

1 UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF CALIFORNIA
3 SAN JOSE DIVISION

4 MICHAEL ANGELO MORALES,) C-06-0219-JF
5)
6 Plaintiff,)
7) San Jose, CA
8 vs.) September 28, 2006
9)
10 JAMES TILTON, et al.,) Volume 4 of 5
11) PP. 773-1067
12 Defendants.)
13)
14)
15)
16)
17)
18)
19)
20)
21)
22)
23)
24)
25)

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE JEREMY FOGEL
UNITED STATES DISTRICT JUDGE

A P P E A R A N C E S:

For the Plaintiff: Law Offices of John R.
Grele
By: JOHN R. GRELE
703 Market Street
Suite 550
San Francisco, CA
94103

McBreen & Senior
By: DAVID A. SENIOR
1880 Century Park East
Suite 1450
Los Angeles, CA 90067

Jenner & Block
By: GINGER D. ANDERS
601 13th Street, NW
Suite 1200 South
Washington, DC 20005

(APPEARANCES CONTINUED ON THE NEXT PAGE)

Court Reporter: PETER TORREANO, CSR
License Number C-7623

1 excused unless there is some objection.

2 MR. GRELE: No, Your Honor.

3 THE COURT: Okay. Thank you.

4 You are released from your subpoena.

5 And, Mr. Gillette, you may call your
6 next witness.

7 MR. GILLETTE: Thank you, Your Honor.

8 Defendants call [REDACTED].

9 THE CLERK: Raise your right hand,
10 please.

11 [REDACTED] M.D.,

12 being called as a witness on behalf of the
13 Defendant, having been first duly sworn, was
14 examined and testified as follows:

15 THE CLERK. Thank you. Be seated.

16 Please state your name and spell it.

17 THE WITNESS: [REDACTED]
18 [REDACTED].

19 DIRECT EXAMINATION

20 BY MR. GILLETTE:

21 Q Good afternoon, Doctor. What is your
22 profession, please?

23 A I'm a physician and anesthesiologist by
24 specialty.

25 Q And where are you currently employed?

1 place in the Governor's Office in February
2 perhaps.

3 Q Thank you. Now, with respect to the issue
4 of your -- you say you've had occasion to work
5 with thiopental; is that correct?

6 A That is correct.

7 Q And it is a drug whose uses and properties
8 you are familiar with?

9 A I am. I used it on a daily basis for almost
10 20 years.

11 Q And do you have experience as an
12 anesthesiologist working with pancuronium
13 bromide?

14 A I do.

15 Q Are you also familiar with its uses and
16 properties?

17 A I last used it the day before yesterday.

18 Q And as a clinical anesthesiologist do you
19 have experience working with potassium chloride?

20 A That as well, yes.

21 Q And you're familiar with its uses and
22 properties?

23 A I last used it the day before yesterday.

24 Q Now, Doctor, did you agree to attend the
25 execution that was scheduled for Michael Morales

1 on February the 24th, 2006 in your capacity as an
2 anesthesiologist?

3 A I did.

4 Q And what was your understanding of the role
5 that you would play in the Morales execution, if
6 it went forward?

7 A Well, pursuant to an order that I believe
8 came from this Court I agreed to monitor
9 Mr. Morales's state of unconsciousness from the
10 time he was placed in the chamber and began
11 receiving anesthetic drugs until the time that
12 pancuronium bromide was started.

13 Q Was it your understanding you would actually
14 be in the chamber at the time that the execution
15 was taking place?

16 A There were two of us, two anesthesiologists,
17 and by mutual agreement I agreed to be the
18 anesthesiologist in the chamber unless I were
19 otherwise incapacitated, in which case my
20 alternate was to step in.

21 Q So based on the understanding that you had
22 of the role you would play, you did agree that --
23 you were prepared to participate in the execution
24 under those -- or to be present at the execution
25 under those circumstances?

1 A Be present is more the way I look at it,
2 yes.

3 Q Did you have any ethical considerations or
4 concerns with respect to that level of
5 involvement in the execution?

6 A I did and still do. I initially declined
7 the invitation to participate. It was -- it
8 was -- the request came in some haste with the
9 indication that there was a short number of days
10 before the scheduled execution. And I suggested
11 that it was not something I would probably do,
12 but stated that I would talk with my wife and
13 we'd talk it over.

14 On further discussion with my family I
15 did agree to go forward on the basis of the
16 documents that I received from this Court.

17 Q And you're referring to the order you'd seen
18 that described the role as you understood it for
19 purposes of your presence at the execution?

20 A Yes.

21 Q After you agreed to attend the execution,
22 did you attend any practice sessions prior to
23 that with members of the execution team at San
24 Quentin Prison?

25 A I did. Both anesthesiologists spent the

1 entire day with -- or at least many hours with
2 the warden and then subsequently with the team
3 actually going through a simulated protocol from
4 beginning to end followed by discussion and then
5 doing it again from start to finish.

6 So we went through two complete
7 run-throughs the afternoon -- or by the end of
8 the afternoon that day that I attended at San
9 Quentin.

10 Q And was this on the Saturday before the
11 execution which was scheduled to start at
12 12:01 a.m. on a Tuesday morning?

13 A I'm afraid I don't recall the date offhand,
14 but could retrieve that if I -- that seems like
15 it.

16 Q Certainly it was at least two or three days
17 prior to the scheduled execution?

18 A Yes.

19 Q And you've indicated that you had an
20 opportunity to observe the execution team
21 practice the procedure; is that correct?

22 A That was the first thing that both of us
23 watched, yes.

24 Q And that gave you -- and you had an
25 opportunity to observe the execution chamber and

1 the facilities that surround it; is that correct?

2 A Yes.

3 Q Did you have any discussion with any of the
4 team members about the techniques that they were
5 using in the execution procedure?

6 A Yes. In one specific area in particular.

7 As I was informed about the court order, we had
8 an affirmative duty to practice much as we did in
9 the hospital, to try to replicate the hospital
10 setting in an attempt to ensure that the planned
11 execution was a humane process.

12 I had a verbal description of the
13 protocol, although I had not read the protocol,
14 prior to that practice session or indeed prior to
15 the planned night of execution. I had not seen
16 the protocol in print in either of its forms.

17 But I was reasonably comfortable on the
18 basis of the description that I had that if
19 things proceeded as they do in hospital with me
20 observing this directly that there would be
21 virtually no chance for -- for pain and suffering
22 given the drugs that were described.

23 But as I watched the first practice run
24 and the description of that by the team I felt
25 compelled even given my ethical discomfiture to

1 comment on how the IV flow issues were being
2 handled. As it was described to me, the team
3 would use slow, gentle pressure to exhaust the
4 syringe in question and place the drug in
5 circulation.

6 That's very difficult to do with a 50 cc
7 syringe. Typically if I need palpable feedback
8 when I'm injecting, I'll use a 10 cc syringe or
9 less. And you get virtually no tactile feedback
10 from a large barrel syringe. So you need another
11 mechanism to reassure yourself that you're not
12 blowing the IV just through hydraulic action, and
13 that was a concern of mine as I -- as I heard the
14 protocol described.

15 Certainly the antecubital IVs that are
16 used are hardy vessels. I mean, we pump great
17 amounts of fluid into patients in shock through
18 that site with regularity and yet, if we're
19 talking about theoretical possibilities and being
20 placed in the situation in the chamber with the
21 inmate, I wanted to rule out any possibility that
22 there would be misadventure.

23 So I asked the team to consider using
24 the passive flow rate of the IV as a -- as a rate
25 limiting step. You open the IV up, let it run

1 wide, and then you manipulate the stopcock so
2 that, much as I do in hospital when I'm using a
3 patient with an extremely small, say, pediatric
4 IV so that I'm certain that I'm not exceeding the
5 passive flow rate of that intravenous.

6 And that's done by merely rotating the
7 stopcock into a position that allows flow from
8 two ports instead of just one.

9 That was a change. I felt troubled by
10 that because I did not see myself in the role of
11 redoing their protocol or adjusting or suggesting
12 refinements, but given that the court order asked
13 me to practice much as I would in hospital, I
14 felt compelled to point that out because I didn't
15 want to be placed in a situation where the
16 technician was blowing the veins before my eyes
17 and we would be in a situation where I could not
18 guarantee what I had been asked to guarantee.

19 Q Now, you mentioned the passive flow rate.
20 Could you describe a little more what that means
21 in terms of the injection -- injecting a syringe
22 into the -- into the tubing in a stopcock.

23 A Well, for most patients an antecubital IV is
24 a big vein and, if you place an 18, 16, even many
25 of the modern 20 gauge IVs into that vessel and

1 open the roller clamp up wide, you won't be able
2 to count the drips.

3 They will -- they will drop through the
4 drip chamber faster than you can count. That's
5 what we typically call "wide open."

6 What that IV has just displayed for you
7 is its -- is that -- is the patient's passive
8 acceptance of that rate of fluid under a
9 hydraulic pressure of the height difference
10 between the bag and the patient as to how fast
11 that patient's vascular system will accept that
12 fluid. There's a couple bottlenecks, the biggest
13 one of which is the IV catheter and not the vein.

14 So if one of the theoretical risks,
15 however small, is that you will hydraulically
16 blow this vein apart by pushing too hard on the
17 syringe, then I think you can reassure yourself
18 that you're not going to get into trouble if you
19 don't exceed what that IV will accept passively.

20 Q Other than that suggestion, did you give any
21 other advice or information or instruction to any
22 members of the execution team?

23 A Only with regard to my positioning inside
24 the chamber, how I wanted the instruments or the
25 monitoring equipment which I planned to bring

1 with me positioned on the inmate or adjacent to
2 the inmate. We moved a small aluminum stool in a
3 position where I could see my monitoring
4 equipment at the same time as I was seeing the
5 inmate.

6 Q So those discussions were with respect to
7 the equipment that you anticipated using to do
8 the job that you understood was required by the
9 court's order as opposed to telling the team how
10 to actually conduct the execution?

11 A That's correct.

12 Q Now, at some point did you come to the
13 conclusion that, in fact, you could not
14 participate, could not even be present at the
15 Morales execution?

16 A That decision was the subject of several
17 hours of agonizing discussion between my partner
18 and myself.

19 Q And what was it that led you to conclude
20 that you could no longer be present for the
21 execution?

22 A You handed me shortly after my arrival at
23 San Quentin an excerpt from an opinion rendered
24 by the Ninth Circuit, and this one-page excerpt
25 was at variance with my understanding and my

1 associate's understanding of what our obligations
2 were to be under the order that came from Judge
3 Fogel.

4 Q And in what way did you perceive a variance
5 between what you thought it was that you were
6 going to be asked to do versus how you understood
7 the Ninth Circuit to be suggesting what you
8 should do?

9 A It's difficult to be diplomatic about it.
10 We do not see ourselves as having anything
11 remotely approaching an executioner's role. We
12 saw our role as -- as not too different from --
13 from opposing counsels' concept, I think. We
14 both are interested in the same outcome no matter
15 what our personal opinions about capital
16 punishment might be, and that would be a painless
17 execution process.

18 That was a role which -- which matched
19 my interpretation of the Hippocratic Oath, but
20 the excerpt from the Ninth Circuit opinion, if I
21 can quote from it because I believe it's one of
22 our exhibits here --

23 Q Would you please do that.

24 A -- said that we construe the order, by which
25 I think he meant the order from this Court, as

1 clearly contemplating that they, the
2 anesthesiologists, have the authority to take all
3 medically appropriate steps.

4 And then it goes on to say: "We also
5 construe 'take all medically appropriate steps'
6 language to require that the anesthesiologist
7 have supplies and a responsibility to ensure
8 Morales is and remains unconscious."

9 That was an affirmative duty to act,
10 which was a very large step beyond observation.
11 My associate and I were willing to place
12 ourselves in the unenviable opinion of being
13 slightly at variance with the AMA's stance on
14 capital punishment or involvement in a process of
15 lethal injection.

16 I was willing to stand in the chamber as
17 this inmate died because, in my professional
18 opinion, he would not suffer. I would be there
19 to see that indeed the drugs went into his system
20 and based on my clinical experience I know that
21 he would have felt no pain from the potassium
22 chloride nor suffered from his inability to
23 breathe.

24 And so I was really not placing myself
25 in an awkward position in that sense except to

1 the extent that I was standing next to someone
2 who was dying and I could not intervene. But
3 even though I thought the process would run,
4 especially because I was there watching according
5 to protocol, the way the outside world would view
6 it, at least in reading this excerpt from the
7 Ninth Circuit, would be that I had a
8 responsibility now to rescue a botched execution
9 even though I knew full well in my heart that
10 physiologically that just could not happen based
11 on my experience.

12 And so I just didn't feel like getting
13 painted as an executioner rescuing a botched
14 execution. It was just -- it was beyond my
15 limit.

16 Q So did you come to the conclusion then that
17 as you understood the way the order had now been
18 interpreted and applied by the Ninth Circuit that
19 if you were to proceed under those circumstances,
20 even if you didn't think you'd ever have to do
21 anything, the very fact that that is what was
22 anticipated would be unethical?

23 A That is correct.

24 Q And can you tell us about when it was that
25 you came to that conclusion? I believe you said

1 this was the night leading up to the actual
2 execution; is that correct?

3 A In retrospect my associate and I came to
4 that conclusion probably within 30 seconds of
5 your handing me this excerpt and we then spent
6 the next many hours debating it, seeking
7 additional information from this Court, trying to
8 figure out a way out of our quandary, and that
9 was unsuccessful.

10 Q Were there discussions with respect to
11 whether the change in the language from the Ninth
12 Circuit did anticipate a greater role than the
13 one that you thought you were being asked to
14 participate in?

15 A Yes.

16 Q And ultimately you came to the conclusion
17 that you could not participate ethically in the
18 execution; is that correct?

19 A That is correct.

20 Q You could not even ethically attend the
21 execution?

22 A That is correct.

23 Q Now, did you leave the prison at some point
24 that night, the night that the execution was
25 supposed to take place?

1 A Yes.

2 Q Did you ever go back to the prison the next
3 day?

4 A I did not. I recall in deposition that
5 this -- this same topic came up and I had a vague
6 recollection of -- not to make light of it, but
7 being given a tour of the warden's garden because
8 of its historical significance. And I recall
9 that happening in daylight and somehow assumed
10 that I must have gone back the next day, but on
11 discussion with my family I realized that that
12 was erroneous testimony on my part at the
13 deposition and that I went once for the practice
14 session and once the night of the planned
15 execution and did not go back.

16 Q Now, following the ultimate stay of the
17 Morales execution was there a point in time when
18 you attended a meeting at the Governor's Office
19 pertaining to California's execution procedures?

20 A Yes.

21 Q And can you recall approximately when that
22 took place?

23 A I think it's on the order of a week later.

24 Q And what was your understanding of the role
25 that you would play at that -- in that meeting at

1 the Governor's Office?

2 A Well, I was invited to participate by the
3 same person who had asked if I would be willing
4 to attend on the execution. Both events were in
5 no small part agreed to on the basis of my
6 personal association with the individual who
7 called me.

8 And so in the case of the meeting at the
9 Governor's Office it was described as a -- as a
10 chance to discuss what had happened, to ask my
11 medical opinion about -- about the protocol as I
12 had seen it practiced with an eye towards what
13 the state was going to do next.

14 Q Was the person who contacted you both with
15 respect to participating -- excuse me, attending
16 the Morales execution and to attend the meeting
17 of the Governor's Office Darc Keller?

18 A It was.

19 Q And do you know where he works?

20 A He worked for the Department of
21 Corrections. Beyond that, I've been to his
22 office once, but I don't know where, no.

23 Q Is there another connection between you and
24 Mr. Keller?

25 A Yes. As we have discussed, he is also a

1 member of the Air Force Reserve and a member of
2 the same unit in which I worked for quite a few
3 years.

4 Q Now, when you agreed to attend the meeting
5 in Sacramento, did you make it known that there
6 were -- that you had some limitations on how
7 involved you were prepared to be in your
8 participation in that meeting?

9 A On more than one occasion.

10 Q And what were the limitations that you
11 placed on your participation?

12 A That I was not there to -- I was not there
13 to play 20 questions. I was not there to have
14 the state come up with a revised protocol by
15 gleaning an answer from me based on my practice.

16 I was not there to tweak the protocol,
17 but to answer medical questions based on my
18 experience and also based on what was also public
19 knowledge, drugs that had been discussed in the
20 public.

21 Q Was it your intent in participating and
22 attending this meeting to assist the state in
23 designing a lethal injection protocol?

24 A Not at all.

25 Q Was it your understanding that you were

1 there as a resource, as you just suggested, to
2 ask questions and provide information upon
3 request or questioning?

4 A I'll be honest with you. The way it was
5 actually portrayed to me is an interesting way to
6 see what goes on at the Governor's level. I'm
7 not involved in politics. It was a meeting that
8 I attended gratis. There was no fee like there
9 was associated with my participation in the
10 practice session.

11 It was one that I just -- I went to more
12 out of interest's sake than anything else as long
13 as I was able to make clear to Mr. Keller that
14 there were limits to what I was willing to talk
15 about.

16 Q Did you at this meeting in the Governor's
17 office offer any advice on how the state should
18 conduct lethal injection executions?

19 A I don't know. Is answering medical
20 questions advice? Yes? No? I certainly didn't
21 see myself as having helped them come up with the
22 revision of the protocol, which I have
23 subsequently read, although there are clear
24 components that were a reflection of our day in
25 the -- in the antechamber and the chamber during

1 the practice session that revolved around how you
2 use the IV.

3 There were questions about the drugs
4 that were used and alternatives, and that was
5 where it got a little difficult for me because I
6 just was not prepared to suggest a better way of
7 doing things, if you want to put it that way.

8 Q Did you respond to questions that were asked
9 of you about the drugs that were used in the
10 protocol?

11 A Yes.

12 Q Did you respond to questions that were asked
13 of you about the procedure that was being used?

14 A Yes.

15 Q Do you recall any discussions at that
16 meeting about the amount of thiopental that was
17 currently being used by the state in its lethal
18 execution protocol?

19 A Yes. That was an area of concern to me on
20 the basis of comments that were made about past
21 executions.

22 Q And with respect to that earlier protocol,
23 was it your understanding that it was a 5 gram
24 bolus dose of thiopental?

25 A Yes.

1 Q And what were the concerns you had that you
2 had expressed in response to questions at that
3 meeting?

4 A The -- the only specific one that I recall
5 related to this use of multiple doses of
6 potassium prior to obtaining a flat line and how
7 that could possibly occur. And I had to devote
8 some thought to that because the -- the
9 expectation is that a super lethal dose of
10 potassium would give you a cardiac rhythm which
11 is not consistent with life within a very few
12 seconds and yet it was described to me and
13 subsequently confirmed in logs that were sent to
14 me that there were some minutes that had passed.

15 And as we pondered this over the meeting
16 I think the conclusion that I came to and
17 expressed to the group was that this was closest
18 to the clinical situation where I would find
19 myself with a frail patient who might receive a
20 very small dose of pentothal and immediately have
21 near unobtainable blood pressure.

22 There's indeed a spectrum of response to
23 thiopental. It's, in my opinion, not as broad as
24 I have heard it described today in testimony, but
25 nonetheless there is a range of response which is

1 fairly wide. And I have seen on countless
2 occasions -- indeed, it's why we are
3 specialists. We are specialists in titrations of
4 drug to effect, and I have seen on countless
5 occasions what you would normally think of as
6 relatively small doses of thiopental producing
7 large drops in blood pressure.

8 I extrapolated from that to now even a
9 healthy patient or inmate receiving this
10 extraordinary dose of thiopental over a
11 relatively short interval and tried to imagine
12 what the physiology would be, and I could only
13 conclude that if I'd seen low blood pressure
14 before, it must be near unobtainable in no small
15 number of inmates receiving 5 grams.

16 Now, what would that cause? Well, it
17 would cause a very slow circulation, and then we
18 bantered back and forth about what the possible
19 physiological responses to that would be. I
20 expressed my discomfiture with 5 grams as a dose.

21 Q And this discussion that you just described,
22 was this in response to questions that were asked
23 of you about based on your medical background and
24 knowledge --

25 A Yes.

1 Q -- what might have been going on?

2 A Yes.

3 Q Now, I'd like to ask you to take a look at
4 Exhibit 71, please. Do you have that in front of
5 you?

6 A I do.

7 Q You stated at the beginning of your
8 testimony that the one additional document that
9 you've reviewed since your deposition were the
10 notes that were made of a meet -- of this meeting
11 that we've just discussed at the Governor's
12 Office; is that correct?

13 A Yes.

14 Q And does this Exhibit 71 appear to be the
15 notes that you reviewed last night?

16 A It is.

17 Q And having reviewed those notes, do they
18 appear to accurately reflect statements that were
19 made by you at the meeting?

20 A Well, yes and no. The meeting was not short
21 and, to the extent that two pages summarizes a
22 couple of hours of very active discussion, it
23 can't possibly match what I think I said.

24 I'm -- I am anxious over seeing
25 Mr. Slavin's note of [REDACTED] saying "that's

1 perfect" because it implies, taking it out of
2 context, that somehow I was describing the
3 perfect regimen, but, in fact, was a reflection,
4 and I do recall that phrase, of being asked a
5 question, the state or someone in that group
6 suggested a change and how would that work with
7 regard to the physiology we've been discussing.
8 And I said, "Well, that's perfect. If you're
9 trying to do this, then that's the sort of thing
10 that you would have happen."

11 So, yes, these notes are pretty
12 accurate, but they don't reflect the entirety of
13 that discussion and I'm anxious about it being
14 taken out of context.

15 Q I understand. Now, subsequent to that
16 meeting did you agree to appear and testify as an
17 expert for the Defendants, the California
18 Department of Corrections and Rehabilitation in
19 this action?

20 A I did.

21 Q And in agreeing to that did you place some
22 ethical limitations with respect to what your
23 participation would entail?

24 A None different from those you had heard
25 before, that I would not prescribe revisions to

1 the protocol that would produce a better
2 physiological response, a faster execution, a
3 more humane execution.

4 I would work with what was on the
5 record. I would give my opinion of the expected
6 physiological response to what was on the record,
7 but I just would find myself unable to prescribe
8 a different regimen even though in my medical
9 training and experience I might have knowledge of
10 drugs that would do the job a little
11 differently.

12 MR. GILLETTE: Your Honor, I'm going to
13 move on now to some substantive areas. I don't
14 know if you want --

15 THE COURT: It seems like as good a time
16 as any.

17 All right. We'll take a 15-minute
18 recess and start up again at five after 3:00.

19 (Recess taken.)

20 THE COURT: We'll be back on the record
21 in the matter of Morales versus Tilton. The
22 record will reflect counsel are present.

23 Mr. Gillette, you may continue with your
24 direct examination of Dr. [REDACTED]

25 MR. GILLETTE: Thank you, Your Honor.

1 we're trying to make it belt and suspenders in
2 terms of reliability and safety.

3 In clinical practice I push hard on
4 antecubital IVs all the time. That's how we
5 resuscitate patients in shock. We give large
6 volumes through those IVs that are inserted in
7 the field by paramedics. That's all we've got,
8 to rush in in shock and you're just pumping
9 fluids and blood and you're certainly not using
10 passive flow rate as a limitation.

11 MR. GILLETTE: Thank you very much,
12 Doctor.

13 Your Honor, I have no further questions
14 at this time.

15 THE COURT: Okay. We have about 15
16 minutes. Do you want to get started?

17 MR. GRELE: If Your Honor wants us to
18 start, I can start.

19 CROSS-EXAMINATION

20 BY MR. GRELE:

21 Q Good afternoon, Doctor.

22 A Good afternoon.

23 Q I know it's been a long day for you here.

24 So I just wanted to ask you about a couple of
25 things and that is -- can you look at Exhibit 136

1 up there, please.

2 Do you see that, Doctor?

3 A I do.

4 Q Okay. Is that your handwriting there?

5 A It is.

6 Q Okay. And when did you put that handwriting
7 on that -- on that document?

8 A Somewhere around the time I was asked to be
9 an expert for the state. The -- I started
10 putting my thoughts together about, you know,
11 what led us to the decisions we made, the two
12 anesthesiologists, and how I felt about the
13 various materials that I had been supplied for
14 evaluation.

15 Q You had been supplied this on the night of
16 Mr. Morales's scheduled execution; is that
17 correct?

18 A Yes.

19 Q This is a page from the Ninth Circuit order;
20 isn't that right?

21 A I don't know. It appears to be.

22 Q Okay. It says: "We construe the order as
23 clearly contemplating that they," meaning you and
24 the other anesthesiologists, "have the authority
25 to take, quote, 'all medically appropriate

1 steps.'" "

2 Do you see that?

3 A I do.

4 Q And then it says: "Either alone or in
5 conjunction with the team to immediately place or
6 return Morales into an unconscious state or to
7 otherwise alleviate the painful effects of
8 pancuronium bromide or potassium chloride."

9 Do you see that?

10 A I do.

11 Q Okay. Was it your understanding that this
12 court's order that you were to take all medically
13 appropriate steps did not include somehow
14 signaling to the team that Mr. Morales was in
15 distress?

16 A Before we proceed, it was my understanding
17 that this was not an order. This was an opinion
18 by the Ninth Circuit regarding the order that
19 emanated from this Court, and that was part of
20 what caused our confusion as to how -- how we
21 should regard this difference of opinion.

22 Q Now, who told you that this was not an
23 order, that it was an opinion?

24 A I don't remember. It was titled an opinion
25 is what I was told.

1 Q And what -- who told you that it was an
2 opinion?

3 A I do not know.

4 Q Okay. Was it somebody from the Attorney
5 General's Office; do you know?

6 A It was one of people in the warden's office
7 that evening, but I had never met any of them
8 besides Mr. Slavin or Mr. Gillette before. So
9 I'm afraid I can't answer your question.

10 Q Okay. When Mr. -- so it wasn't Mr. Slavin
11 or Mr. Gillette, the only two people you remember
12 being there; is that your testimony?

13 A It could indeed have been more. I just
14 don't remember.

15 Q Did Mr. Slavin or Mr. Gillette jump up and
16 say, "Well, wait a second. An opinion from the
17 Ninth Circuit is indeed a court order"?

18 A You're talking to a layperson. That may be
19 the case. I don't know.

20 Q You don't remember any such thing?

21 A All I remember is that there was some
22 discussion regarding how we were to regard this
23 language. We informed the people in the warden's
24 office it was really problematic for us.

25 Q I understand that. And I notice on the top

1 here it says in your handwriting "excerpt from
2 the Ninth Circuit opinion dated 2/19."

3 Do you see that?

4 A Yes.

5 Q "Delivered to us at 9:00 p.m. 2/20/06 in the
6 warden's office."

7 Do you see that?

8 A Yes.

9 Q It's significant to you the date of the
10 order and when you got it; isn't that correct?

11 A Yes.

12 Q And the bottom I see with two stars next to
13 it. So I imagine that's also significant as
14 well. It says: "Attorney General's Office had
15 this for two days," with two or three exclamation
16 points next to it. Do you see that with the two
17 stars?

18 A I do.

19 Q So, again, it was pretty significant to you
20 that this thing had been -- this order or
21 decision or opinion had been out there for two
22 days and had not been given to you; isn't that
23 correct?

24 A Yes.

25 Q Okay. And then I see at the bottom where it

1 says in quotes: "Didn't see a problem."

2 Do you see that?

3 A Yes.

4 Q Now, that's information that was given to
5 you from the Attorney General's representative;
6 isn't that right?

7 A I'm afraid I don't recall who handed it to
8 me. I believe it was Mr. Gillette.

9 Q Okay.

10 A But in any case, it demonstrated for me a
11 bit of the gulf in understanding between the
12 physicians and their issues with regard to
13 medical ethics and the legal approach to this
14 process. It's -- it's no one's fault. It was
15 just an unfortunate series of events that --

16 Q Well, that's a matter for history, Doctor,
17 whether it's somebody's fault. But what I'm
18 interested in is your subject of your sentence
19 here is "Attorney General's office had this for
20 two days."

21 A Uh-huh.

22 Q And then the next sentence: "Didn't see a
23 problem."

24 A Yes.

25 Q That wasn't your language that you didn't

1 see a problem, was it?

2 A No. That was Mr. Gillette's statement.

3 Q Okay. All right. And did he -- well --
4 now, you went to -- are you familiar with the
5 concept bait and switch?

6 A I have heard that term used before, yes.

7 Q Okay. Now, your understanding was that you
8 were only to monitor Mr. Morales's condition;
9 isn't that correct?

10 A That's how I interpreted it as a layperson,
11 the order that came from this court.

12 Q And you had spoken with representatives from
13 the CDCR and Mr. Gillette's office about that;
14 isn't that right?

15 A Minimally before the night of the planned
16 execution.

17 Q You had spoken to them in the context of
18 your run-through, isn't that right, your practice
19 session?

20 A No. We didn't talk a lot about the court
21 order. It was sort of an assumption that we
22 would be proceeding, that I and my associate had
23 agreed to proceed on the basis of our
24 understanding and, no, we concentrated on the
25 clinical issues that day. It was not a topic of

1 discussion.

2 Q Okay. Did you have a conversation -- did
3 Mr. Keller inform you as to what the appropriate
4 parameters of your involvement were?

5 A He did not.

6 Q Okay. Did you inquire of him?

7 A No.

8 Q Did the warden instruct you as to what the
9 parameters of your task were?

10 A Instruct me? No. We -- we discussed our
11 roles that night in the warden's office in some
12 detail. At least if, you know, based on the
13 amount of time it took, no, I didn't get
14 instructions from the warden.

15 Q I'm sorry, Doctor. I'm referring to when
16 you first became involved in this process.

17 A I did not meet the warden until that -- that
18 day of the practice run.

19 Q Okay. And did you have a discussion with
20 the warden then about what you felt your
21 understanding of the process was?

22 A Yes, I did.

23 Q And you made it clear to him that your
24 understanding was that you were going to monitor
25 Mr. Morales; isn't that correct?

1 A Yes.

2 Q Okay. And he didn't inform you that there
3 was any other obligations on your part or
4 expectations of you?

5 A That's correct.

6 Q Okay. All right. And when you were there
7 for the training sessions was Mr. Gillette there
8 as well?

9 A I believe so.

10 Q Okay. And he didn't instruct you that it
11 was any different, did he?

12 A I have no recollection of that, no.

13 MR. GRELE: Okay.

14 THE COURT: Mr. Grele, let me know when
15 you're done with this area and we'll stop for the
16 day.

17 MR. GRELE: That's the Ninth Circuit
18 order. I'm done with that, Your Honor.

19 THE COURT: Okay. You're going to go on
20 to medical matters now?

21 MR. GRELE: I was going to -- yes.

22 THE COURT: Okay. All right. Let's
23 stop because it seems like a reasonable point.

24 We'll be in recess until 9:00 o'clock
25 tomorrow. I just want to go over some

1 hear the medical stuff, but in light of this I
2 mean it's --

3 THE COURT: That's fine.

4 MR. GRELE: Okay. Thank you.

5 [REDACTED] M.D.,

6 being called as a witness on behalf of the
7 Defendant, having been previously duly sworn,
8 resumed the stand and testified as follows:

9 RESUMED CROSS-EXAMINATION

10 BY MR. GRELE:

11 Q Now, you attended a meeting at the
12 Governor's office, Doctor?

13 A I did.

14 Q And certain individuals with CDC were
15 present?

16 A I believe so.

17 Q Okay. And some lawyers from the Governor's
18 office and Mr. Gillette as well?

19 A Yes.

20 Q Okay. All right. And you've had an
21 opportunity to review what's Exhibit 71, the
22 notes of that meeting by Mr. Slavin?

23 A I have.

24 Q Okay. And you were asked a little bit about
25 that by Mr. Gillette early in your examination;

1 do you recall that?

2 A I do.

3 Q Okay. And you had some difficulties with
4 some of the information that's chronicled in
5 those notes; is that correct?

6 A No.

7 Q Okay. All right. Just the appearance of
8 what those notes may imply is what you said, I
9 think?

10 A Yes.

11 Q Okay. All right. All right. And I think I
12 take it from your testimony and I know from
13 earlier in deposition that you were concerned
14 about whether or not they could imply that you
15 had skirted an ethical boundary that you had
16 established for yourself in these proceedings;
17 isn't that right?

18 A That is correct.

19 Q Okay. That's not an ethical boundary that's
20 prescribed by law; is that right?

21 A Not to my knowledge.

22 Q Physicians can participate in executions in
23 California by law; isn't that correct?

24 A I don't know.

25 Q Okay. Now, in these notes it describes

1 quite a bit of conversation by you. Are the
2 notes an accurate recitation of the conversation?

3 A To the extent that two pages can represent a
4 discussion lasting some hours, yes.

5 Q Okay. Now you say "some hours." Are you
6 disagreeing with the stipulated fact by the
7 parties that the meeting took place over an hour
8 to an hour and a half?

9 A I don't have a precise recollection. If
10 that's what was stated, I won't disagree with
11 that. It seemed longer to me.

12 Q Maybe travel time was included?

13 A Perhaps.

14 Q Okay. And in here it seems that you are
15 describing some -- some processes concerning
16 lethal injection; isn't that correct?

17 A Yes.

18 Q Okay. In the first note it says "agrees
19 with," and I can't read that and I assume you
20 can't either?

21 A I cannot.

22 Q Okay. All right. "We need to address both
23 the drugs and the mechanical administration
24 issues." Isn't that right?

25 A Yes.

1 Q So there were two issues in your mind when
2 you attended this meeting. One is some of the
3 pharmacokinetic dynamics that you've described in
4 your direct and another was issues of mechanical
5 administration, is it being done mechanically
6 properly by the proper people; isn't that right?

7 A Yes.

8 Q Okay. All right. And the rest of the
9 conversation here appears to be devoted to the
10 first topic and not the second; is that correct?

11 A The first topic being mechanics?

12 Q Being the pharmacokinetics, how the drugs
13 work, what -- what the doses would be, those
14 kinds of things.

15 A All right. That seems reasonable.

16 Q Okay. But do you recall any discussion
17 about what you -- what you referred to as
18 mechanical administration issues at the
19 Governor's meeting?

20 A Not precisely. If we can use these notes to
21 prompt me, but, no, nothing springs to mind.

22 Q Okay. Well, there's nothing in the notes.
23 So I don't know how it could prompt you, but if
24 it does, let us know. Okay?

25 A All right.

1 Q And by -- and your opinion you expressed at
2 the meeting was effectively based on the protocol
3 you have a one-drug protocol; isn't that right?

4 A That was a conclusion I reached -- probably
5 yes. That's the short answer.

6 Q All right. And the basis for that is, and I
7 think you testified to this on direct, was you
8 have such a high dose of thiopental, that 5 gram
9 dose, that the other drugs aren't getting --
10 moving around in the system in the way you would
11 expect them to move normally; isn't that correct?

12 A The response to those drugs, which we are
13 indeed, seeing is not occurring in the time
14 sequence which we expect.

15 Q Which you would expect. Okay. And when you
16 mean the time sequence meaning it's taking longer
17 for inmates to expire; is that correct?

18 A It's taking longer for the -- for the bodily
19 response that you expect to see to take place,
20 cessation of respiratory effort or the onset of a
21 fatal arrhythmia or a flat line that's taking
22 longer in both cases for a number of inmates.

23 Q Okay. All right. And so you said that 5
24 grams of thiopental is lethal by itself, but slow
25 would take up to 45 minutes. Is that your

1 opinion?

2 A Yes.

3 Q Okay. Now, this is -- obviously you're

4 extrapolating from your clinical experience.

5 You've never given anyone 5 grams of thiopental?

6 A That's also correct.

7 Q Okay. All right. And is that a linear

8 measurement? Can you linearly go there? Can you

9 say I know what it does at 750 milligrams and so,

10 therefore, I can predict what it's going to do at

11 5,000 milligrams?

12 A Okay.

13 Q I think we've heard this term "leap of

14 faith." Is that one of those leaps of faith?

15 A Well, I prefer to consider it a combination

16 of so many thousands of uses, personal uses of

17 thiopental on my patients, observing the effects,

18 couple that with my medical training and

19 extrapolating. So it's a bit more than a leap of

20 faith for me.

21 Q Okay. All right. Then the next comment is

22 one that I'm -- I'd like to ask you about. You

23 represented to the meeting that if you don't want

24 to risk the death rattle then want to use the

25 paralyzing agent, the Pavulon.

1 Do you see that comment?

2 A I do.

3 Q Was that your representation to the group?

4 A That was a response to a discussion which
5 had ranged around the table regarding the
6 cosmetics, if you will, of the death process and
7 I --

8 Q I'm sorry. That's fine, Doctor. I just
9 want to ask you another question about that
10 then.

11 And the cosmetics were whether or not
12 the visible manifestations of death would be
13 disturbing or misinterpreted; isn't that correct?

14 A No, that's not correct.

15 Q Okay.

16 A The way the discussion ranged was --
17 "cosmetics" is an inappropriate term. The
18 occurrence of visibly disturbing phenomena during
19 the death process rose as a topic of discussion
20 and my response was that if you wish to eliminate
21 them then Pavulon would do that.

22 Q Okay.

23 A That was not represented to me that that was
24 what the state was after. It was merely a topic
25 of discussion.

1 Q I understand that. I understand that. The
2 state never represented to you that they were
3 considering removing that drug from the protocol,
4 did they?

5 A That was not a topic of discussion.

6 Q Okay. And I think later on you said, if
7 you're stuck with these drugs, then you give
8 thiopental as a continuous infusion; isn't that
9 correct?

10 A We discussed whether or not you could move
11 to pentobarbital because that drug was in the
12 record, whether or not -- since pain was an issue
13 whether or not the state wanted to add a
14 narcotic, which was the obvious drug for pain,
15 and it was represented to me that the state
16 wanted to stay with the three drugs that it had.
17 Hence my comment.

18 Q Thank you. Your comment was if they were
19 stuck with these three drugs, then you go to the
20 continuous infusion method; isn't that correct?

21 A I don't recall whether those were my precise
22 words. Those are the notes that were made.

23 Q Okay. Do you have any reason to disagree
24 with that representation of your wording?

25 A I don't know if it's the terminology that I

1 would have used, but it's a small point.

2 Q Okay. All right. Approximates the concept
3 that you were interested in conveying?

4 A Yes, yes.

5 Q Okay. All right. Now, you're saying that
6 the problem is the physiology -- the heart almost
7 collapses from thiopental. So the other drugs
8 don't flow effectively and the potassium diffuses
9 out slowly.

10 Is that correct? That's your statement?

11 A That's my statement.

12 Q Okay. And that was what you testified to
13 today.

14 Now, one comment I noticed here, and
15 we've had some discussion about that in our
16 proceedings throughout, you said it would be
17 preferable to use phenobarbital; isn't that
18 correct?

19 A No, I did not say phenobarbital. I believe
20 that's a transcription error. I believe my
21 choice of drug was pentobarbital. I tried to
22 restrict myself to drugs that were already in the
23 record as far as I --

24 Q In the record, you mean, in terms of what
25 people had considered for similar processes --

1 A Yes.

2 Q -- in other states and things of that
3 nature?

4 A Yes.

5 Q All right. What other states were
6 considering using pentobarbital?

7 A I don't know.

8 Q Okay. And where did you get the note -- was
9 it drawing upon your clinical experience or
10 perhaps pentobarbital was a better drug?

11 A If you are using a single-drug protocol and
12 if you are concerned about possibilities, small
13 possibilities although they might be some minutes
14 to half an hour to an hour out, and if the rare
15 inmate has a favorable airway that allows this
16 involuntary respiration to resume, then you
17 prefer to have a non-ultrashort-acting
18 barbiturate still in the system to assure
19 unconsciousness. Pentobarbital would provide
20 that in a single-drug protocol.

21 Q Okay. And if you had somebody there
22 monitoring the situation and the inmate -- and
23 this is, again, we're talking about the extremely
24 rare case; isn't that correct?

25 A In my opinion, yes.

1 Q I mean, if you load somebody up with 9 grams
2 of pentobarbital, they are going to expire; isn't
3 that correct?

4 A I believe so.

5 Q Okay. With near 100 percent certainty;
6 isn't that right?

7 A Yes.

8 Q And so we're talking about an extreme
9 outlier. Do you know percentages, one in 10,000,
10 one in 100,000?

11 A I do not.

12 Q Okay. All right. But, anyway, in this
13 extreme inmate situation that you're describing
14 or hypothesizing, if you had somebody there at --
15 at the side of the inmate or in proximity to the
16 inmate and able to monitor the inmate, they could
17 induce an additional dose of sedative at that
18 point if there was a problem; isn't that correct?

19 A If it were that person's duties, yes.

20 Q And that may alleviate the concerns of one
21 in 10,000 or one in 100,000 inmates that might
22 live past 9 grams of pentobarbital; isn't that
23 correct?

24 A No. It wouldn't change that. What you are
25 positing is an inmate with this rare favorable

1 airway who's receiving a non-paralyzing drug so
2 with this additional dose that you were
3 prescribing would merely perpetuate the sleep,
4 and that could go on ad infinitum until the brain
5 damage that presumably is also occurring is the
6 fatal event, but now we're talking many hours
7 out.

8 Q I understand. Brain damage occurs within
9 six to eight minutes; isn't that right?

10 A If you have complete apnea, but that's not
11 what you're positing here. You're positing a
12 favorable airway.

13 Q All right. But somebody would be able to
14 tell, somebody such as yourself with the
15 experience that you've brought to this endeavor
16 could be able to tell whether or not somebody has
17 a positive airway or not; isn't that correct?

18 A Yes. There are devices that would provide
19 the same information.

20 Q And you were going to use them at the
21 Morales execution; isn't that right?

22 A I was.

23 Q Okay. And then you came up -- then it says
24 here the answer is 1 gram of thiopental and then
25 the pentobarbital. Do you see that comment?

1 A I do.

2 Q Okay. Does that reflect your -- the message
3 you conveyed at the meeting?

4 A That reflects the -- my final comment on a
5 discussion which was revolving around the issue
6 of fast onset, long duration. So we had two
7 drugs in the record, if you will, one of which
8 was known for extremely fast onset. We have
9 another drug which is known for longer duration
10 of action. If you were after both issues, you
11 might consider combining the two.

12 Q Okay. And here you're still sort of trying
13 to maybe push the discussion in a direction that
14 you understand at this point the state is
15 somewhat reluctant to go; isn't that right?

16 A I'm offering medical alternatives to what --
17 to the protocol that I was -- that was described
18 to me prior to the meeting.

19 Q Okay. All right. And they were not --
20 obviously they were not adopted by the state;
21 isn't that correct? I mean, they are not --

22 A Apparently not.

23 Q Okay. And then Mr. Gillette said, "Okay.
24 Do it 1, 2, 3, 1, 2, 3 in lower doses." Do you
25 see that?

1 A I do.

2 Q Does that reflect his comment?

3 A I believe he's ascribing that -- oh, yes.

4 Mr. Gillette, yes.

5 Q Okay. And --

6 A And you will see that I followed then with

7 or 1, 2, 3, 1. The discussion, what those

8 numbers mean --

9 Q If you could, Doctor, actually, you missed
10 an important feature here, your comment "that's
11 perfect."

12 A Well, that was just what I described
13 yesterday as my concern about this being taken
14 out of context.

15 Q I understand.

16 A I was offered a very simple -- I was offered
17 an attorney's description of a medical procedure
18 when we were talking about 1, 2, 3, sleep drug,
19 paralytic drug, potassium. And he repeated that
20 back to me and I said, "yes, that's -- you have a
21 perfect understanding of that concept" as we were
22 talking about it at that time.

23 It does not mean to be an endorsement of
24 the protocol. It's merely a description of the
25 three-drug steps and that attorney's

1 representation back to me of what we were talking
2 about.

3 Q Okay. "That's perfect." It's at that
4 point, of course, that they adopt -- that they
5 decide that they are going to go with the 1, 2, 3
6 method; isn't that correct?

7 A The 1, 2, 3 method is what they had anyway.

8 Q I understand. But you had offered them some
9 alternatives to consider perhaps in your expert
10 opinion as a clinician?

11 A I merely said that the five grams was an
12 excessive dose in my mind. It was far more than
13 was necessary to be lethal, that in combination
14 with the second and third drugs it might be an
15 explanation for why those subsequent two drugs
16 did not act in the fashion that was expected.

17 Q But that is an earlier discussion. The
18 discussion that immediately precedes the 1, 2, 3
19 comment is a discussion of alternatives that
20 you're suggesting perhaps the state should
21 consider; isn't that correct?

22 A You're connecting a discussion in a fashion
23 that does not follow the way the discussion went.

24 Q So Mr. Slavin is recording the conversation
25 piecemeal and injecting parts that came before as

1 after. He's not chronologically --

2 A No. The chronology is correct. You're
3 trying to connect the discussion of thiopental
4 and pentobarbital herein described as
5 "phenobarbital" with the subsequent discussion of
6 1, 2, 3. We have maybe 10, 12 bullets here of an
7 hour's discussion, that the two are not
8 connected. They were different issues.

9 Q Okay. All right. So but then you offer
10 another alternative, 1 to 2 to 3 to 1. Do you
11 see that?

12 A Yes.

13 Q And then you offer another alternative,
14 continuous infusion after 3; is that correct?

15 A Yes.

16 Q And then you offer another alternative,
17 continuous in one arm of 1 and then 2 and 3 in
18 the other arm. Do you see that?

19 A Yes.

20 Q Okay. Now, by the way, Mr. Gillette had
21 offered the audience or the meeting participants
22 a sort of brief run-through or a listing of what
23 other states are doing; isn't that correct?

24 A Yes.

25 Q Okay. And are you aware of the difficulties

1 that have occurred in other states when they use
2 that method of the one drug in one arm and the
3 two drugs in the other arm? Are you aware?

4 A I am not.

5 Q Okay. And then did Mr. Gillette describe
6 them to you, the problems that they've had in
7 Oklahoma, for instance, the very great
8 difficulties they've had as a result?

9 A He did not.

10 Q Okay. And, by the way, what would the
11 purpose be of putting a dose of thiopental at the
12 end of a dose of potassium chloride?

13 A The reason we seem to be here is this
14 disbelief that the huge overdose of barbiturate
15 persists. And so it seems fairly simple from a
16 medical perspective that, if you are concerned
17 about continued sleep no matter what else is
18 happening, then add more sleep drug.

19 Q Okay. Are you familiar with the North
20 Carolina procedure that had a similar kind of --
21 well, I shouldn't say "similar," but had a dose
22 before and after the proceeding?

23 A I'm not.

24 Q Okay. And you're not aware of
25 Dr. Dershwitz's recommendation that they remove

1 that?

2 A I'm not.

3 Q Okay. Now, there was a -- the next -- if
4 you turn the page, the next page, there is some
5 discussion by some other participants here Warden
6 Ornoski. Do you see that?

7 A I do.

8 Q Okay. By the way, and then Ms. Hoch and
9 she -- would you disagree with Warden Ornoski's
10 representation that Ms. Hoch did most of the --
11 most of the running of this meeting?

12 A I really don't recall.

13 Q Okay.

14 A Almost all of these people were new to me
15 and so that's not something that I track.

16 Q All right. But she asked the most questions
17 and talked the most?

18 A I don't know.

19 Q Okay. All right. Would you have any reason
20 to disagree with that representation?

21 A No.

22 Q It's a stipulated fact by the parties.

23 A Not at all.

24 Q Now, her comment there was: "Fewer changes
25 will be better." Do you see that?

1 A I do.

2 Q Was that a general comment that you
3 perceived at the meeting or was it a particular
4 comment by Ms. Hoch?

5 A I don't recall the comment at all.

6 Q Okay. All right. Now, you said in the rare
7 case you may need -- there may be a problem
8 because the barbiturate would only cause
9 permanent sleep. And I take by that that you
10 mean not death; is that right?

11 A Yes. We were once again returning to this
12 favorable airway hypothesis.

13 Q Okay. All right. And then you said: "If
14 you can't get to Pavulon and potassium within two
15 minutes" and then it says "done."

16 A Yes.

17 Q Okay. So that -- they would be dead at that
18 point; right?

19 A I don't -- I don't -- I am trying to recall
20 when I read this yesterday what this note means,
21 and I don't recall the conversation that -- I
22 don't know what "done" means here.

23 Q Okay. And you recommended then -- and then
24 Mr. Gillette talked about the continuous infusion
25 and keeping the other two drugs; isn't that

1 right?

2 It said: "Let's have a continuous
3 infusion, 40 grams of Pavulon, and then we have
4 potassium until death."

5 Do you see that comment?

6 A I see that comment.

7 Q Do you remember that comment by
8 Mr. Gillette?

9 A Not specifically, but I have no reason to
10 doubt these notes.

11 Q Okay. All right. And then -- and then you
12 said: "1 gram push with 4 grams in the bag for
13 the drip." Do you see that?

14 A I do.

15 Q Okay. Now, there you're correcting
16 Mr. Gillette; isn't that correct?

17 A Well, to the extent that this one line says
18 that Mr. Gillette was suggesting you could only
19 have a continuous infusion, I am disagreeing with
20 that. I don't think that is what he was
21 representing. That's not how the discussion ever
22 went.

23 There was never any discussion that I recall
24 about abandoning bolus dosage in favor of only a
25 continuous infusion of barbiturate.

1 Q Okay. And you raised -- again you raised
2 another drug that perhaps they should consider as
3 part of the process, and that's a narcotic that
4 would eliminate pain; isn't that right?

5 A Yes.

6 Q There's nothing really, no analgesic
7 involved in this process as it now stands; isn't
8 that correct?

9 A An overdose of barbiturate of this degree is
10 analgesic.

11 Q Okay. That's a process as opposed to a
12 particular drug; isn't that right?

13 A No. It's the barbiturate effect in
14 overdose --

15 Q In overdose?

16 A -- is analgesic.

17 Q And Mr. Gillette's response was when you
18 said "why not use it" his response was "because
19 all three drugs are currently upheld by the
20 courts." Isn't that right?

21 A Yes.

22 Q And Ms. Hoch then said stay with -- quote:
23 "Stay with three bags and tweak with Heath
24 testimony as [REDACTED] suggestions." Do you see
25 that?

1 A I do.

2 Q And you recall that comment?

3 A I recall something along those lines, yes.

4 Q All right. And then you followed up with
5 some discussion about the line collapsing; isn't
6 that correct?

7 A It appears now we've switched finally to our
8 mechanical topic, yes.

9 Q Okay. All right. All right. Now, these
10 notes then represent basically your understanding
11 of the discussion about the development of this
12 protocol; isn't that right?

13 A I think it's better than I could have done
14 from my recollection.

15 Q Okay. Because you remember in deposition we
16 had a back and forth about that and there was
17 some gaps?

18 A It's very hard for me to recall that
19 meeting, yes. It was early in this process.

20 Q Okay. Now, did you have an opportunity
21 prior to that meeting to consult with a
22 pharmacokineticist about -- about the action of
23 these drugs?

24 A No.

25 Q Okay. Would it be perhaps -- and how about

1 a pharmacist such as Dr. Ekins that testified
2 here?

3 A I consulted with no one prior to this
4 meeting. I didn't know this meeting was going to
5 occur until a couple days before, a few days
6 before.

7 Q And so the only expertise that was brought
8 to bear at this meeting was your clinical
9 expertise; isn't that correct?

10 A Yes.

11 Q All right. Now, bringing expertise to
12 the -- speaking of bringing expertise to the
13 process, you did bring some expertise to the
14 process when you visited San Quentin on Saturday
15 before Mr. Morales's scheduled execution; isn't
16 that right?

17 A Yes.

18 Q Okay. And you recommended some changes. I
19 think you've talked about your additions to this
20 endeavor that were part of the process that day;
21 isn't that correct?

22 A Consistent with my obligations under the
23 court order then in effect.

24 Q Okay. You thought -- you offered them some
25 discussions about twisting the catheter I think